

1 ever at the Ellises at a time when the KOKS transmitter was
2 turned off to see, you know, the difference in their tele-
3 vision reception?

4 A Not that I can recall.

5 Q Well --

6 A Prior to them coming on the air, I was, sure. I mean,
7 prior to the -- prior to KOKS being on the air, sure.

8 Q Okay. Now I guess you indicated that you had been to
9 the Ellises quite a number of times? Right?

10 A Yes.

11 Q Okay. And quite a number of times would include quite
12 a number of times before KOKS came on the air?

13 A I was in business. I have been doing this 16 years
14 before KOKS was on the air.

15 Q Right. But in terms of the Ellises' house?

16 A Yes.

17 Q Okay. What, if any, differences do you recall seeing
18 in their television reception pre-KOKS and after KOKS came on
19 the air?

20 A There were some things that changed at the Ellis
21 residence from the time before KOKS until the time afterward
22 with their antenna system.

23 Q Okay. I mean, you can explain.

24 A Okay. Before, Fred Ellis being the type of person that
25 he is, he doesn't like to spend money on systems. And the way

FREE STATE REPORTING, INC.

Court Reporting Depositions
D.C. Area 261-1902
Balt. & Annap. 974-0947

1 he got the antenna system that he had was that his kids pur-
2 chased it for him, and they purchased the antenna and a
3 booster after KOKS came on the air, to my recollection,
4 because it wasn't there when I made a service call before. It
5 was there after, and I really didn't pay any attention to the
6 reception. The reception before was snowy. That is why they
7 did the work on the antenna.

8 Q Well --

9 A Fred -- Fred called me for advice, and I told him the
10 equipment that I thought he should have, and that is what they
11 put up. So prior to the interference problem that he called
12 me about with his amplifier failing, I didn't do any antenna
13 work for Fred.

14 Q Well, what I thought I understood from what you were
15 saying -- and, you know, correct me if I am wrong -- you had
16 been at the Ellis household on a number of occasions --

17 A Sure.

18 Q -- before KOKS started to broadcast?

19 A Right.

20 Q Is it also true that you had a chance to observe, and
21 in fact did observe, their television reception before KOKS
22 came on the air?

23 A Yes, I did.

24 Q Okay. Now what I am trying to get is, what did you see
25 before KOKS came on the air, and what did you see after KOKS

FREE STATE REPORTING, INC.

Court Reporting Depositions
D.C. Area 261-1902
Balt. & Annap. 974-0947

1 | came on the air?

2 | A Okay. Before KOKS came on the air, I saw Channel 12
3 | good, 6 with snow, Channel 8 with lines in it, and Channel 15
4 | was there. It had a small ghost.

5 | Q All right. Now that is the before?

6 | A That is before.

7 | Q All right. Now what did you see after?

8 | A Afterwards, they had upgraded their system. Channel 6
9 | was better, but they had a -- this was after our visit or
10 | prior to putting the filter on the TV set?

11 | Q Okay. Let me see if I can make a little clearer. I
12 | want you to focus your attention on what you saw at the Ellis
13 | household after KOKS came on the air. The first time that you
14 | went there after KOKS was on the air, so you had a chance to
15 | look at both before and after. In other words, KOKS isn't
16 | there; now KOKS is there.

17 | A Yes.

18 | Q And what, if any, difference do you see?

19 | A I saw basically Channel 8 the same, basically
20 | Channel 12 the same. I saw some interference on Channel 6.

21 | Q Okay. And how about Channel 15?

22 | A Basically it was the same.

23 | MR. SHOOK: Okay. Your Honor, I would like to dis-
24 | tribute for -- you know, mark for identification Mass Media
25 | Exhibit No. 30.

FREE STATE REPORTING, INC.

Court Reporting Depositions
D.C. Area 261-1902
Balt. & Annap. 974-0947

1 (Pause.)

2 MR. SHOOK: I will get Your Honor a copy.

3 JUDGE STIRMER: Would you describe this for the record?

4 MR. SHOOK: Your Honor, it is six pages, and it is
5 various documents that bear the signature either of
6 Marie Ellis and/or Fred Ellis.

7 JUDGE STIRMER: All right. This will be marked as
8 Bureau Exhibit 30.

9 (The document was marked for identifica-
10 tion as Mass Media Exhibit No. 30.)

11 BY MR. SHOOK:

12 Q Now, Mr. Lampe, I want you to focus your attention on
13 page 1. It reflects the signature of Marie Ellis, the date of
14 January 28, 1989. And do you see where the TV numbers are
15 circled?

16 A Yes.

17 Q Okay. According to Marie Ellis, it appears to her that
18 Channels 6 and 8 are affected. Does that comport with your
19 recollection, or do you remember something different?

20 A According to my recollection, 6 was affected but 8 had
21 lines in it. Theirs is a fixed system. It does not rotate.

22 Q Okay. So, in other words, as far as you recall, KOKS
23 coming on the air had absolutely no effect on Channel 8?

24 A At the time I was there, I did not see KOKS in
25 Channel 6. I saw it in Channel 6.

FREE STATE REPORTING, INC.

Court Reporting Depositions
D.C. Area 261-1902
Balt. & Annap. 974-0947

1 Q Okay. And did you talk with the Ellises at all about
2 their radio reception?

3 A No, sir.

4 Q Okay. Moving on to paragraph 22, did you have any
5 conversations with Ms. Christian to determine when a booster
6 amplifier was installed at her house?

7 A No, not exactly.

8 Q Did you determine from your visits or from any conver-
9 sation with Ms. Christian what the booster amplifier was
10 hooked up to?

11 A No, I -- I don't recall if I did.

12 Q Okay. You don't know then, for example, whether the
13 booster amplifier was hooked up only to one television set and
14 that the other television set was not hooked up to a booster?

15 A I know that both antennas, or both TVs was hooked up to
16 the same system.

17 Q Okay. Now that means both the console in the living
18 room and the portable in the kitchen, they were both hooked up
19 to the antenna?

20 A Yes, sir.

21 Q So they both were affected by the presence of the
22 booster?

23 A Yes, sir.

24 Q Now is there anything -- is she going to be doing
25 something different then? If you look at the third sentence

FREE STATE REPORTING, INC.

Court Reporting Depositions
D.C. Area 261-1902
Balt. & Annap. 974-0947

1 of paragraph 22, it says, "She told us, however, that she was
2 going to remodel and run all of her TV sets off the same line
3 for the booster." Now that is just -- if she has already got
4 both TVs hooked up to the booster, this isn't anything dif-
5 ferent, is it?

6 A No, sir, it's not.

7 Q Okay. But this is what you remember?

8 A Yes, sir.

9 Q So when you installed a filter, the filter that you
10 installed had an effect on both television sets?

11 A We tried it at the back of both sets. I don't remember
12 which set we left the filter on.

13 Q Okay. I just want to get a clear picture here. We
14 have got the antenna and the booster, and that antenna system
15 is hooked up to both television sets?

16 A Yes.

17 Q The filter now is only going to work with one of them?

18 A That is correct.

19 Q So the filter is being put on sometime after the
20 booster is already in place?

21 A That is correct.

22 Q Now were you ever at the Garrison household when KOKS
23 was off the air?

24 A No, sir.

25 Q With respect to Mr. Crutchfield, moving to

FREE STATE REPORTING, INC.

Court Reporting Depositions
D.C. Area 261-1902
Balt. & Annap. 974-0947

1 paragraph 24, did you ever determine when Mr. Crutchfield had
2 obtained and installed a booster?

3 A No, I did not.

4 Q Did you determine why Mr. Crutchfield had obtained and
5 installed a booster?

6 A No, I did not.

7 Q So it would be news to you if it developed that
8 Mr. Crutchfield had purchased the booster after KOKS came on
9 the air, in an effort on his part to try to deal with recep-
10 tion problems?

11 A It would be to me.

12 Q Now what is the basis for the last two sentences in
13 paragraph 24, that the poor reception you observed was not the
14 result of FM blanketing interference but was caused by the
15 poor reception of the signal.

16 A The location of Mr. Crutchfield's house in reference to
17 Channel 15, Poplar Bluff, was the problem with his Channel 15
18 reception. The Channel 6 problem looked to me just to be a
19 problem in his antenna system. He had a real mass of stuff up
20 there. Just, you know --

21 JUDGE STIRMER: Excuse me. Did you ask Mr. Crutchfield
22 whether he received these signals fairly well before the
23 advent of KOKS?

24 THE WITNESS: No, sir, I did not.

25 JUDGE STIRMER: You didn't?

FREE STATE REPORTING, INC.

Court Reporting Depositions
D.C. Area 261-1902
Balt. & Annap. 974-0947

1 THE WITNESS: I don't recall asking him that, no.

2 JUDGE STIRMER: Do you recall asking that question of
3 any people who you visited?

4 THE WITNESS: I don't recall asking that, no.

5 JUDGE STIRMER: Well, wouldn't that have been the
6 appropriate question to ask --

7 THE WITNESS: We talked --

8 JUDGE STIRMER: -- in an effort to determine precisely
9 what the problem was? Whether it was KOKS or some other
10 cause?

11 THE WITNESS: It would have been. It would have been
12 the proper question to ask, yes.

13 JUDGE STIRMER: All right, Mr. Shook.

14 MR. SHOOK: Okay.

15 BY MR. SHOOK:

16 Q And were you ever observing Mr. Crutchfield's reception
17 when KOKS went off the air?

18 A I had never been in Mr. Crutchfield's house before that
19 time.

20 MR. SHOOK: Your Honor, we are almost to the end.

21 BY MR. SHOOK:

22 Q Now, Mr. Lampe, if you would please turn to page 16 of
23 your testimony, the fifth line down, the reference to that you
24 checked the transmitter power output and "it has always been
25 legal while I have checked it." What kind of checking is

FREE STATE REPORTING, INC.

Court Reporting Depositions
D.C. Area 261-1902
Balt. & Annap. 974-0947

1 involved here? And what do you mean when you say it has
2 always been legal?

3 A The Harris transmitter has a digital readout display
4 panel on the front of it. It reads out the various parameters
5 of the transmitter operation. It reads out transmitter power
6 output. It reads out plate voltage and plate current of the
7 output tube. It reads out input power to the tube from the
8 IPA stage. It reads out the output power of the exciter into
9 the IPA stage. It reads out standing wave or reflected power
10 back into the power output stage. And those you call up with
11 a touch pad on the front of the transmitter and check those
12 parameters to make sure that the power output of the transmit-
13 ter is at the 35K license limit.

14 Q Okay. And so when you say it has always been legal,
15 what you are saying is that when you did these little
16 punch-out maneuvers, it always reflected 35 kilowatts?

17 A Thirty-five kilowatts, plus or minus, 5 percent above,
18 10 percent below.

19 Q Okay. And was that also the case in the situations
20 that were described earlier in your testimony about arcking
21 and antenna bay fires? Were you ever at the --

22 A I was never on site during one of those.

23 Q Okay. So you don't know, for example, what happened to
24 the transmitter power when either the arcking the antenna bay
25 fires occurred?

FREE STATE REPORTING, INC.

Court Reporting Depositions
D.C. Area 261-1902
Balt. & Annap. 974-0947

- 1 A No, I do not.
- 2 Q Okay. Is it also true that you wouldn't know what
3 happened in the advent of rainy weather or heavy fog?
- 4 A As far as transmitter power?
- 5 Q Correct.
- 6 A The only thing would be station logs.
- 7 Q Okay. Would the station logs reflect rain or fog or
8 simply temperature?
- 9 A They reflect the power output of the transmitter at the
10 time the operators log on and log off.
- 11 Q Would they have also reflected any changes that were
12 made as a consequence of dealing with rain or fog?
- 13 A They would reflect the actual -- yes, they would
14 reflect the operating parameters of the transmitter at the
15 time. If the power was lowered because of a problem, they
16 would be on the log. If it was raised, it would be on the
17 log.
- 18 Q Now how often did your checking occur?
- 19 A My checking of the transmitter is at least once every
20 30 days.
- 21 Q Okay. And where is the transmitter located?
- 22 A Highway 67 North, Poplar Bluff.
- 23 Q It is at the Stewart residence, isn't it?
- 24 A Right.
- 25 Q And where is it located in relation to the Stewart

FREE STATE REPORTING, INC.

Court Reporting Depositions
D.C. Area 261-1902
Balt. & Annap. 974-0947

1 house?

2 A In the back yard.

3 Q Now could you help us a little setting in terms of the
4 house, the transmitter, the tower?

5 A Okay. The house is north of the transmitter building.
6 The transmitter building is approximately -- I am not real
7 good with measurements -- probably 200 to 300 feet in the back
8 yard, located below the tower.

9 Q Now did you ever have a chance to discuss with
10 Mr. Ramage --

11 JUDGE STIRMER: Where is the studio located?

12 THE WITNESS: On Baron Road. It is linked by STO.

13 BY MR. SHOOK:

14 Q Did you ever have a chance to discuss with Mr. Ramage
15 the plate current readings that seemed to concern him?

16 A Yes, sir, we did.

17 Q And what was the result of that discussion?

18 A Mr. Ramage told me that the indirect method of power
19 determination would be used and that at the reading we were
20 picking up off the plate current, that we were running low
21 power. I conveyed to Mr. Ramage that, according to my TO
22 output indicator of the transmitter, that we were not at lower
23 power, that we had a faulty reading. Mr. Ramage told me if I
24 would -- asked me if I would document this to him.

25 Q Uh-huh.

FREE STATE REPORTING, INC.

Court Reporting Depositions
D.C. Area 261-1902
Balt. & Annap. 974-0947

1 A And I went to the transmitter manual, pulled out the
2 paragraph and showed it to Mr. Ramage that this could occur
3 under some circumstances. Mr. Ramage noted that documenta-
4 tion, told me not to make any adjustments to the transmitter
5 while they were in the area, and that as soon as they left the
6 area I could make my repairs and notify the FCC.

7 Q Okay. And did you do that?

8 A Yes, I did.

9 Q And that manual that you are reflected in page 6 of
10 Mass Media Exhibit 28? And 28 is the last exhibit. You have
11 got 27.

12 A I'm sorry. Yes, sir, that is correct.

13 Q Okay. So that underlined portion is what you and Mr.
14 Ramage discussed?

15 A Yes, sir.

16 Q And you ultimately then confirmed with him that the
17 problem was that there was just some part that had to be
18 replaced to give a proper current meter reading?

19 A Yes, sir.

20 MR. SHOOK: Your Honor, I believe I am finished, but if
21 you would give me one minute to just look things over.

22 JUDGE STIRMER: All right. Off the record for one
23 moment.

24 (Off the record.)

25 (Back on the record.)

FREE STATE REPORTING, INC.

Court Reporting Depositions
D.C. Area 261-1902
Balt. & Annap. 974-0947

1 JUDGE STIRMER: On the record.

2 MR. SHOOK: Your Honor, I have no further questions of
3 this witness.

4 JUDGE STIRMER: All right. Do you have redirect,
5 Mr. Dunne?

6 MR. DUNNE: Yes, I do.

7 JUDGE STIRMER: All right. Why don't we take a
8 mid-afternoon break and reconvene in five minutes?

9 (Whereupon, a brief recess ensued.)

10 JUDGE STIRMER: On the record. Mr. Dunne, redirect
11 examination?

12 MR. DUNNE: Yes, I do, Your Honor. Thank you.

13 REDIRECT EXAMINATION

14 BY MR. DUNNE:

15 Q Mr. Lampe, I believe you testified earlier under your
16 cross-examination that several of the stations that are desir-
17 able and desired by the people in Poplar Bluff are widely
18 separated. Was that correct?

19 A Yes, sir.

20 Q They are separated in terms of one is north, one is
21 east, one is south and one is north. Is that correct?

22 A Yes, sir.

23 Q Could you give us an idea, based on your experience,
24 about where these signals are vis-à-vis, say, Poplar Bluff
25 being the epicenter?

FREE STATE REPORTING, INC.

Court Reporting Depositions

D.C. Area 261-1902

Balt. & Annap. 974-0947

1 A Cape Girardeau is a little east of northeast. Paducah,
2 Kentucky, is a little east of northeast. Memphis channels are
3 in the southeasterly direction. Jonesboro channels are basi-
4 cally due south.

5 Q Okay. Do most people around here in your experience
6 have unidirectional or directional antennas?

7 A Have directional antennas.

8 Q And why do they have directional antennas?

9 A Because of the area that we live. We are in a fringe
10 and deep-fringe area. A directional antenna has more gain, so
11 therefore you can pick up channels or RF signals further away.

12 Q Okay. And isn't it a fact, Mr. Lampe, that the quality
13 of signaling you would receive on a television set with a
14 directional antenna is directly linked to which direction the
15 antenna is? Is that correct?

16 Q And I think, I believe it was your testimony that if
17 you have your antenna directed toward Channel 6 and
18 Channel 12, which are generally in the north or northeasterly
19 direction, that your reception on Channel 8, which is in the
20 southerly direction, would be of impact. Is that correct?

21 A That is correct.

22 Q And that to improve any sort of reception on Channel 8
23 would require you to orient your antenna towards Channel 8?

24 A That is correct.

25 Q I think you testified in response to a couple of

FREE STATE REPORTING, INC.

Court Reporting Depositions
D.C. Area 261-1902
Balt. & Annap. 974-0947

1 | questions that in a couple of instances that the interference
2 | to Channel 6 that you saw on a particular TV set was
3 | co-channel interference from a Channel 6 someplace else?

4 | A Yes.

5 | Q Once again, can you tell us, if you recall, what gave
6 | you the impression that interference was co-channel inter-
7 | ference?

8 | A The blanking bars rolling up the screen, which tends to
9 | cause the channel that you are watching to lose sync, possibly
10 | to roll. Possibly seeing a portion of another picture super-
11 | imposed with the picture that you are watching.

12 | Q Okay. When you say another picture superimposed on a
13 | picture, that means to you that there is co-channel inter-
14 | ference as opposed to other interference?

15 | A That is correct.

16 | Q Okay. Now you have made reference to ghosting. Could
17 | you describe to the uninitiated, like the ones sitting at this
18 | table here, what do you mean by ghosting?

19 | A It is the same picture repeated on the screen.

20 | Q Okay. So essentially you have kind of an image super-
21 | imposed and you can notice the difference?

22 | A Usually side by side.

23 | Q Okay. When you see ghosting on a TV set, when you are
24 | at a home, for example, to improve someone's reception or to
25 | repair their TV set, is there a general cause that you can

FREE STATE REPORTING, INC.

Court Reporting Depositions
D.C. Area 261-1902
Balt. & Annap. 974-0947

1 attribute to ghosting?

2 A Normally you can come up with a cause if you look long
3 and hard enough. Normally it is the orientation of the anten-
4 na, possibly one lead wire off of the antenna, possibly no
5 antenna hooked up to the television at all. Maybe they are
6 using rabbit ears.

7 Q I think you mentioned that several people who live
8 close to the Channel 15 tower were affected by ghosting inter-
9 ference. Is that correct, or ghosting on their TV set?

10 A Yes, sir.

11 Q And that ghosting was impacted more or less the closer
12 -- in certain instances, the closer they were to the tower?

13 A That is correct.

14 Q Why is that, Mr. Lampe? What is it about Channel 15
15 being under the tower that causes ghosting?

16 A The UHF frequency tends to ricochet off of surrounding
17 surfaces such as buildings or hills, or if you are located
18 possibly in a valley you may get that same signal reflected
19 off of the back and the sides of that valley and it comes to
20 your antenna at a different time. You still see all of the
21 pictures you are receiving, but the time delay causes your
22 ghost.

23 Q Okay. Why, if you were closer to the Channel 15 tower,
24 does your ghosting problem get worse?

25 A The power of the ghosting signal is more, is stronger.

FREE STATE REPORTING, INC.

Court Reporting Depositions
D.C. Area 261-1902
Balt. & Annap. 974-0947

1 Q I think you testified in paragraph 5 on page 2 that you
2 had some experience with FM blanketing interference with radio
3 station KKLK, whatever the call sign was at that time. And
4 you described at some length going to one home and assisting
5 one person in trying to cure that blanketing interference.
6 Was that the only home that you visited or the only complaint
7 you heard about concerning blanketing interference with
8 respect to that radio station?

9 A I had more complaints concerning the FM interference of
10 that station. The people didn't know what it was, but they
11 knew they were having problems with their Channel 6.

12 Q Did you go to any of these homes and observe any of
13 this interference?

14 A Yes, we did, and it was normally with homes that had
15 boosters that do not have FM traps built into them.

16 Q Okay. And was it always a home that had a booster, or
17 on occasion --

18 A On occasion it was. On occasion, on one occasion, I
19 had to take a stereo back from a customer of mine because KKLK
20 was coming in on their tape deck all the time. And so rather
21 than, rather than have a problem with the customer, I just
22 gave him back his money and let him return the stereo.

23 Q Okay. Can you give even a rough approximation of the
24 number of people that you visited that you identified the
25 problem with as KKLK blanketing interference?

FREE STATE REPORTING, INC.

Court Reporting Depositions
D.C. Area 261-1902
Balt. & Annap. 974-0947

1 A I would say probably 10 or so.

2 Q And in each case, after reviewing their reception, you
3 identified the problem as blanketing interference as opposed
4 to co-channel interference or ghost interference or any other
5 reception problem that you were aware of?

6 A When it came to Channel 6, yes.

7 Q I think you testified, Mr. Lampe, that blanketing
8 interference has a distinctive, is distinctively viewed on a
9 screen. Is that correct?

10 A That is correct.

11 Q It looks different from ghosting interference or
12 co-channel interference or other kinds of interference. Is
13 that correct?

14 A Yes.

15 Q I think you also testified that, I believe, the first
16 time that you learned of blanketing interference was when you
17 attended trade school in high school. Is that correct?

18 A Yes, we -- it was part of the training.

19 Q Okay. And as part of the training, you don't remember
20 exactly what they told you about blanketing interference, do
21 you?

22 A No, sir.

23 Q That was how many years ago? Many years ago?

24 A Many years, over 20 years.

25 Q Mr. Lampe, do you recall at that time anyone, did they

FREE STATE REPORTING, INC.

Court Reporting Depositions
D.C. Area 261-1902
Balt. & Annap. 974-0947

1 explain to you what blanketing interference was as part of the
2 course of study?

3 A We went through the different types of interference
4 that we could see.

5 Q Okay.

6 A And blanketing was one of them.

7 Q Okay. Did anyone demonstrate for you on a radio or
8 television set what blanketing interference looked like?

9 A No, it was in our textbooks.

10 Q It was in your textbooks? Do you recall in your
11 textbook having any pictures of what blanketing interference
12 looked like as opposed to some other kind of interference?

13 A I remember seeing pictures. I don't recall whether or
14 not they were in the textbook. I remember the descriptions.

15 Q Okay.

16 JUDGE STIRMER: Let me go back a moment, Mr. Dunne,
17 please. With regard to KKLR, what was the power of that
18 station?

19 THE WITNESS: Your Honor, I believe at that time it was
20 running about 13,000 watts.

21 JUDGE STIRMER: And where is the antenna located? Was
22 it in a commercial area or a residential area?

23 THE WITNESS: Residential.

24 JUDGE STIRMER: Residential?

25 THE WITNESS: Yes, sir.

FREE STATE REPORTING, INC.

Court Reporting Depositions
D.C. Area 261-1902
Balt. & Annap. 974-0947

1 JUDGE STIRMER: Densely populated or sparsely popu-
2 lated?

3 THE WITNESS: Sparsely.

4 JUDGE STIRMER: All right. Is that the same situation
5 with respect to KOKS?

6 THE WITNESS: KOKS is more densely populated.

7 JUDGE STIRMER: All right. Excuse me, Mr. Dunne.

8 MR. DUNNE: Certainly, Your Honor.

9 BY MR. DUNNE:

10 Q In paragraph 1 of your testimony, you noted that you
11 worked for A-1 Electronics for approximately five years?

12 A Yes, sir.

13 Q That was a TV repairman essentially, Mr. Lampe?

14 A Yes, sir.

15 Q During that time, did you ever have an opportunity to
16 view any sets that were experiencing any sort of interference
17 at all as part of your TV repair?

18 A Yes, sir.

19 Q And so, therefore, you got a chance to see sets that
20 were receiving a poor signal, for example?

21 A Yes.

22 Q And so you learned to identify what a poor signal
23 looked like?

24 A Yes.

25 Q And you learned to identify what ghosting looked like

FREE STATE REPORTING, INC.

Court Reporting Depositions
D.C. Area 261-1902
Balt. & Annap. 974-0947

1 and the causes of that. Is that correct?

2 A Yes.

3 Q During those five years at A-1 Electronics, did you
4 ever have an occasion to deal with a situation where an FM
5 radio station was causing interference to a TV set, if you can
6 recall now?

7 A Not specifically that I can recall.

8 Q Okay. You also described during your testimony that
9 you actually in your home experienced blanketing interference
10 from KKLK, or the call sign of that now. Is that correct?

11 A Yes, sir.

12 Q And I believe you testified that as you moved your
13 antenna around, that the blanketing interference would get
14 better or worse, depending on how your antenna is oriented?

15 A Yes, sir.

16 Q And you also testified that most of the antennas around
17 here are oriented in one direction? Is that correct?

18 A That is correct.

19 Q If you were to maintain your antenna oriented in a
20 particular direction, Mr. Lampe -- in other words, the antenna
21 stayed the same -- would the blanketing interference markedly
22 change over time during the broadcast day?

23 A It should remain fairly constant.

24 Q When you say should remain fairly constant, that means
25 to the layman that they wouldn't notice a big difference?

FREE STATE REPORTING, INC.

Court Reporting Depositions

D.C. Area 261-1902

Balt. & Annap. 974-0947

1 Correct?

2 A That is correct.

3 Q I think you testified that sometimes the strength of
4 the signal is impacted during a particular day when, I think
5 you said signal fade? That was a term I don't recall.

6 A Incoming signals such as television signals?

7 Q Yes, sir.

8 A Yes.

9 Q Okay. Would that sort of phenomenon have a marked
10 effect on someone's observation of blanketing interference?

11 A Yes, it would.

12 Q Okay. So are you saying then that during a regular
13 day, if you have your antenna oriented in a particular direc-
14 tion, that blanketing interference would appear to the
15 untrained eye to go up and down kind of markedly?

16 A Yes, it could. It would, it would vary with the
17 incoming signal level.

18 Q Okay. Prior to the time that KOKS came on the air,
19 were you consulted by anyone that you recall concerning inter-
20 ference created by the Highway Patrol?

21 A Yes, I was.

22 Q Okay. Do you have any approximate numbers? This is
23 prior to the time that KOKS came on the air.

24 A That, that was over a long period of time. I couldn't
25 give you any approximate numbers.

FREE STATE REPORTING, INC.

Court Reporting Depositions
D.C. Area 261-1902
Balt. & Annap. 974-0947

1 Q Okay. And I believe you testified that the inter-
2 ference from the Highway Patrol is intermittent in terms of
3 that only you saw some zags across the screen and sometimes
4 you had audio. Is that correct?

5 A That is correct.

6 Q Would that sort of intermittent interference be distin-
7 guishable to an untrained eye to blanketing interference from
8 an FM radio station?

9 A As long as they were transmitting, it would look
10 similar, so an untrained eye could mistake it.

11 Q But I believe you testified that the Highway Patrol
12 interference was intermittent.

13 A That is correct. Only when they transit.

14 Q Okay. So unless the radio station was only transmit-
15 ting in short spurts, then it would be fairly obvious?

16 A Right, if it is something other than a broadcast
17 station.

18 JUDGE STIRMER: Let me interrupt a moment. Let me ask
19 you this. If you turn on Channel 6 and you hear KOKS Radio,
20 is that blanketing?

21 THE WITNESS: Continuously?

22 JUDGE STIRMER: Yes.

23 THE WITNESS: Yes, it would be.

24 JUDGE STIRMER: And if you heard the radio signal on
25 other television stations, that would be caused by blanketing?

FREE STATE REPORTING, INC.

Court Reporting Depositions
D.C. Area 261-1902
Balt. & Annap. 974-0947

1 Is that right?

2 THE WITNESS: Yes, sir.

3 JUDGE STIRMER: No other question about that?

4 THE WITNESS: No question whatsoever. It would have to
5 be.

6 JUDGE STIRMER: All right.

7 BY MR. DUNNE:

8 Q Okay. In paragraph 11, page 7 of your testimony, you
9 testified that you went to many houses in the Poplar Bluff
10 area because you were a TV repairman. Following that time you
11 became affiliated with KOKS. Is that correct?

12 A That is correct.

13 Q I don't believe it was clear from your testimony,
14 Mr. Lampe, how many homes you visited that were within the
15 blanketing area. Now you know what the blanketing area is
16 now, don't you?

17 A Yes, sir.

18 Q Can you give us an approximation? From the time you
19 went to work for KOKS until you went on this 105 visits in
20 February 1991, okay, how many homes did you visit within the
21 blanketing area, to the best of your recollection?

22 A The best of my recollection would have been 20 to 30
23 homes.

24 Q And these people were people who would call you up and
25 complain of some problems?

FREE STATE REPORTING, INC.

Court Reporting Depositions
D.C. Area 261-1902
Balt. & Annap. 974-0947

1 A I was in their home either picking up their set, taking
2 it for repair, bringing it back or selling them a new tele-
3 vision.

4 Q Okay. Well, let me confine that. How many of those
5 homes a blanketing contour between the time you went to work
6 for KOKS as their contract engineer and the 105 visits in
7 February of 1991 did you go to people's homes with the spe-
8 cific request, or at their specific request, to do something
9 to their television set or antenna system having to do with
10 interference?

11 A Probably about five.

12 Q About five?

13 MR. SHOOK: Your Honor, I move to strike that answer.
14 My recollection is that that direct question was put to him --
15 I mean, the question was put to him on direct, and the answer
16 he gave was between 15 to 20.

17 JUDGE STIRMER: Well --

18 MR. SHOOK: Now I guess the record will reflect what
19 it --

20 MR. DUNNE: The record will reflect what the record
21 reflects.

22 JUDGE STIRMER: The record will reflect that. You
23 don't have a real good handle on that, do you, Mr. Lampe?

24 THE WITNESS: I -- that's --

25 JUDGE STIRMER: I understand that you probably go to

FREE STATE REPORTING, INC.

Court Reporting Depositions
D.C. Area 261-1902
Balt. & Annap. 974-0947